

<p style="text-align: right;">Page 67</p> <p>1 A. Correct.</p> <p>2 Q. In her anus?</p> <p>3 A. Yes.</p> <p>4 Q. In her vagina and in her mouth?</p> <p>5 A. Correct.</p> <p>6 Q. And so your autopsy reflects that there is no</p> <p>7 evidence inside her body of recent sexual activity?</p> <p>8 A. Correct.</p> <p>9 Q. And by recent sexual activity semen is produced</p> <p>10 by a male; correct?</p> <p>11 A. Yes.</p> <p>12 Q. And semen -- the evidence of semen inside a</p> <p>13 woman's body on any of the three orifices we've</p> <p>14 discussed, can remain there for how long a period of</p> <p>15 time?</p> <p>16 A. Well, it depends on the medium it is in. But</p> <p>17 it can stay several days, yes.</p> <p>18 Q. Several days.</p> <p>19 A. Yes.</p> <p>20 Q. It would also depend upon whether or not -- you</p> <p>21 say the medium whether that body is dead or alive, would</p> <p>22 it not?</p> <p>23 A. Certainly.</p> <p>24 Q. Certainly. Okay. So from your examination,</p> <p>25 you cannot detect any physical evidence that suggests</p>	<p style="text-align: right;">Page 69</p> <p>1 Q. There's nothing about what you saw that made</p> <p>2 you even hesitate to render that opinion?</p> <p>3 A. Correct.</p> <p>4 Q. Correct.</p> <p>5 (Pause.)</p> <p>6 MS. GUTIERREZ: Thank you, I have nothing</p> <p>7 further, thank you, Dr. Korell.</p> <p>8 THE COURT: Redirect?</p> <p>9 MS. MURPHY: Nothing, Your Honor.</p> <p>10 THE COURT: Very good. May the doctor be</p> <p>11 excused?</p> <p>12 MS. MURPHY: Yes, Your Honor.</p> <p>13 THE COURT: Thank you, Doctor.</p> <p>14 THE WITNESS: Thank you, Your Honor.</p> <p>15 (The witness was excused.)</p> <p>16 THE COURT: Next?</p> <p>17 (Pause.)</p> <p>18 MR. URICK: At this time the State will call</p> <p>19 Melissa Stangroom to the stand.</p> <p>20 THE CLERK: Raise your right hand, please?</p> <p>21 Whereupon,</p> <p>22 MELISSA STANGROOM</p> <p>23 a witness produced on call of the State, having first</p> <p>24 been duly sworn, was examined and testified as follows:</p> <p>25 THE CLERK: Okay. Ma'am, you may lower your</p>
<p style="text-align: right;">Page 68</p> <p>1 that she had recent sexual activity?</p> <p>2 A. Correct.</p> <p>3 Q. And your performing those tests was part of the</p> <p>4 normal routine of any autopsy; is that correct?</p> <p>5 A. Correct.</p> <p>6 Q. You weren't asked to do anything special here?</p> <p>7 A. Certainly not.</p> <p>8 Q. And you did not?</p> <p>9 A. Right.</p> <p>10 Q. If it had been called for, you would have done</p> <p>11 something special; would you have not?</p> <p>12 A. No. This is routine.</p> <p>13 Q. This one was routine?</p> <p>14 A. Yes.</p> <p>15 Q. Okay. There was nothing that you saw either</p> <p>16 inside or outside the body, whether it would have been</p> <p>17 visible to those of us who are lay people or not, that</p> <p>18 gave you concern about your opinion as to what was the</p> <p>19 cause of death, did it?</p> <p>20 A. Correct.</p> <p>21 Q. Or as to what was the manner of death?</p> <p>22 A. Can you rephrase that, please?</p> <p>23 Q. Certainly, I'll try. Meaning that it was a</p> <p>24 homicide?</p> <p>25 A. Yes.</p>	<p style="text-align: right;">Page 70</p> <p>1 hand. You may be seated.</p> <p>2 Will you please state your name and address for</p> <p>3 the record? And would you please spell your first and</p> <p>4 last name?</p> <p>5 THE WITNESS: Melissa Stangroom, S-T-A-N-G-R-</p> <p>6 O-O-M. I am at the Maryland State Police Crime</p> <p>7 Laboratory, 1201 Reisterstown Road, Pikesville, Maryland.</p> <p>8 THE CLERK: Thank you, ma'am.</p> <p>9 THE COURT: Yes.</p> <p>10 DIRECT EXAMINATION</p> <p>11 BY MR URICK:</p> <p>12 Q. Good morning, Ms. Stangroom.</p> <p>13 A. Good morning.</p> <p>14 Q. Where are you employed again?</p> <p>15 A. The Maryland State Police Crime Laboratory.</p> <p>16 Q. And how long have you been employed there?</p> <p>17 A. Almost five years now.</p> <p>18 Q. And what is your current position there?</p> <p>19 A. I am a Forensic Chemist II in the Biology DNA</p> <p>20 Unit.</p> <p>21 Q. And what are your job duties?</p> <p>22 A. I analyze physical evidence that comes into the</p> <p>23 Crime Lab for the presence of blood and semen and other</p> <p>24 body fluids and then I perform DNA testing on them.</p> <p>25 Q. What previous work experience have you had?</p>

<p style="text-align: right;">Page 71</p> <p>1 A. I've been basically been working in a 2 laboratory for the past ten years. I started out working 3 in a clinical laboratory in a hospital setting in 1990, 4 where I was a Laboratory Assistant, and I drew blood from 5 patients. 6 I then went to the Washington Fertility Study 7 Center in Washington, D.C., where I was a Medical 8 Technologist that performed semen analysis for donor and 9 fertility study purposes. 10 I've worked at Planned Parenthood in 11 Washington, D.C., as a Medical Technologist, American 12 Medical Laboratories as a Medical Technologist in the 13 biology -- excuse me, in the microbiology department. 14 And I have also interned at the Northern 15 Virginia Division of Forensic Science when I was in 16 graduate school. 17 I'm also a part-time faculty member currently 18 at Villa Julie College in Baltimore, Maryland, where I 19 teach an introduction to forensic science class. 20 Q. What is your educational background? 21 A. I have a Bachelor of Science degree from the 22 University of Buffalo in Medical Technology. And I have 23 a Master of Science degree from the George Washington 24 University in forensic science. 25 Q. And what type of training have you had in the</p>	<p style="text-align: right;">Page 73</p> <p>1 MS.GUTIERREZ: We would have stipulated to her 2 expertise. 3 THE COURT: Very good. Then, Ms. Stangroom is 4 qualified as an expert in forensic DNA analysis. 5 BY MR. URICK: 6 Q. Ms. Stangroom, if you could, just briefly tell 7 the jury what DNA is? 8 A. DNA is the blueprint of life. It is found in 9 all of our nucleated blood cells. All of our DNA is 10 different with the exception of identical twins. 11 We inherit our DNA from our parents, so that we 12 get half of our DNA from our mother and half of our DNA 13 from our father. 14 DNA, because we all have different DNA's, is 15 useful for forensic scientists, in identifying a 16 perpetrator in a crime, for example. 17 Q. And where is DNA found in humans? 18 A. In the nucleated cell of the body. It doesn't 19 matter if it's from a blood -- white blood cell that's 20 from your blood or if it's found in a cheek cell from 21 your cheek, it's going to all be the same DNA. 22 Q. By nucleated cell, do you mean a cell that has 23 a nucleus? 24 A. Yes, I do. You can think of it -- the nucleus 25 of the cell is the yolk of an egg. It's the central part</p>
<p style="text-align: right;">Page 72</p> <p>1 field of forensic DNA analysis? 2 A. I've been to a number of workshops 3 concentrating on forensic DNA analysis, one including a 4 two-week training session in Quantico at the F.B.I. 5 Academy. 6 I attend regular meetings in forensic science, 7 as well. 8 Q. Do you belong to any professional 9 organizations? 10 A. Yes, I do. I'm currently a member of the 11 American Academy of Forensic Scientists, and a member of 12 the Mid-Atlantic Association of Forensic Science. 13 Q. Has any court in the State of Maryland ever 14 previously qualified you as an expert witness? 15 A. Yes. I've previously qualified here in 16 Baltimore City, Prince George's County, Cecil County, 17 Allegheny County, and New Castle County, Delaware. 18 Q. And have you been qualified as an expert in 19 serology? 20 A. Yes, I have. 21 Q. And in DNA? 22 A. Yes, I have. 23 MR. URICK: At this time I'd like to offer 24 Melissa Stangroom as an expert in the field of forensic 25 DNA profiling.</p>	<p style="text-align: right;">Page</p> <p>1 of the cell. 2 Q. Now, there are cells in the body that do not 3 have nucleuses? 4 A. Yes, the immature red cell is nucleated, but 5 the mature circulating red blood cell in our blood 6 actually is not nucleated. So, it's the white blood 7 cells in our blood that the DNA -- has the DNA. 8 Q. Is it a generally accepted fact that everyone, 9 other than identical siblings, will have different DNA? 10 A. Yes, that's true. 11 Q. In short, what is forensic DNA profiling? 12 A. Forensic DNA profiling is also known as "RFLP 13 analysis" for short. It stands for restricted fragment 14 length polymorphism. It's basically looking at certain 15 areas of our DNA and comparing them among individuals. 16 Q. And would this be a new technology? 17 A. No, it's been around now since at least 1985. 18 Q. What other fields utilize RFLP analysis? 19 A. Primarily, the medical community uses it most 20 often to diagnose diseases and to find traits that are 21 related to one another, such as the gene that might be 22 associated with breast cancer. 23 It's also used widely in the paternity 24 laboratories, as well, to determine who a father, 25 potential father might be.</p>

1 Q. Are there any other laboratories that might use
2 it?
3 A. Yes. Many labs across the country utilize RFLP
4 technology.
5 Q. Have you successfully completed any proficiency
6 tests at the Maryland State Police?
7 A. Yes, I have.
8 Q. Can you explain what that means?
9 A. Proficiency testing is necessary to make sure
10 that all of us working in the laboratory are doing the
11 right job and we're up to par in the reports that we give
12 out to the community.
13 And, it is required that I am proficiency
14 tested twice a year at the State Police. And I have
15 been, and I have successfully completed all of my
16 proficiency tests.
17 Q. What is T-W-G-D-A-M?
18 A. That's an acronym that stands for TWGDAM. It's
19 the technical working group on DNA analysis methods.
20 And, basically, it's just a group of individuals, of
21 scientists from laboratories across the country and
22 Canada, that come together and talk about current
23 advances and the current methodologies used in DNA
24 analysis.
25 And they set forth guidelines for other

1 laboratories around the country to follow. So they set
2 standards for all of us as forensic chemists to follow
3 while we're doing our DNA work.
4 Q. Does the Maryland State Police Crime Laboratory
5 DNA Unit adhere to TWGDAM guidelines?
6 A. Yes, we do.
7 Q. Did there come a time when you were requested
8 to conduct DNA profiling testing in the investigation of
9 the death of Hae Lee Min with various suspects, including
10 the defendant today, Adnan Syed?
11 A. Yes.
12 Q. Can you tell the members of the jury what
13 samples were submitted to you for your analysis?
14 A. On September 24, 1999, I received in the State
15 Police Crime Laboratory, a blood sample from Hae Min Lee,
16 a blood sample from Adnan Syed, one blood sample from Jay
17 Wilds, and a blood sample from a shirt.
18 And these items were received from Sal Bianca
19 from the Baltimore City Crime Lab.
20 Q. And did you indicate when you received that
21 evidence?
22 A. Yes. That was September 24th, 1999.
23 Q. If you would, please explain the procedures
24 used by the laboratory to conduct your testing? In
25 short, explain DNA and the testing performed?

1 A. Okay. Basically, when I received the blood
2 samples from the shirt, the first thing I'm going to do
3 is cut the sample up, cut the blood stain up. In this
4 case, it was, I believe, around the size of a quarter.
5 I cut it up and place it into a plastic small
6 tube and add some chemicals. This is going to start my
7 extraction phase of the analysis. In other words, I'm
8 going to break open the nucleated cells and now take out
9 the DNA from the cells and isolate that.
10 The next thing I'm going to do is cut the DNA
11 up into fragments because I want to isolate the areas of
12 variation. I want to only concentrate on the areas that
13 we vary in and leave all the other DNA out.
14 Because in actuality, 99 percent of our DNA is
15 the same. That's why we all have two arms and two legs,
16 and one head.
17 Most of our DNA codes for the same thing, but
18 there's the one percent of this variation that I'm going
19 to zone in on and compare.
20 So I'm going to compare the DNA profiles that
21 are found in the questioned sample to the DNA profiles
22 that are found from the individual's -- the known blood
23 standards that I had received from Hae Min Lee, Adnan
24 Syed, and Jay Wilds.
25 Q. Were you able to reach a conclusion based on

1 your testing and analysis of the evidence received?
2 A. Yes, I was.
3 Q. And what was conclusion? Pardon me. And upon
4 what do you base your conclusion?
5 A. I have my report with me, I'd like to read
6 from.
7 Q. If you would, please?
8 A. The DNA profiles obtained from the blood
9 samples from the shirt match the DNA profiles from the
10 known blood standards of the victim, Hae Min Lee. Hae
11 Min Lee cannot be excluded as a possible donor of the DNA
12 extracted from the blood samples from the shirt.
13 The probability of selecting an unrelated
14 individual at random from the Caucasian and African-
15 American populations having DNA profiles matching the
16 blood samples from the shirt, is as follows:
17 This is from the F.B.I. database.
18 The Caucasian frequency is one in 1.7 billion.
19 And the African-American frequency is one in 4.1 billion.
20 The DNA profiles from the blood samples from the shirt do
21 not match the blood samples obtained from the suspects
22 Adnan Syed and Jay Wilds.
23 Q. What is a lumograph?
24 A. The lumograph is a piece of X-ray film that is
25 my final product of my entire RFLP analysis. And it's

1 what I bring to court to show the jury of my final work
2 product.

3 Q. Would this be an appropriate time to show the
4 jury the product?

5 A. Sure.

6 MR. URICK: Your Honor, I'm not certain if the
7 two alternate witnesses over there can see the screen.
8 They might want to move around a bit.

9 THE COURT: How about it, Jurors? Can you see
10 the screen from there? You can move your chairs if it
11 will make it easier.

12 Everybody see?

13 BY MR. URICK:

14 Q. Let's test one first just to make sure they
15 focus.

16 A. This piece of X-ray film here is known as a
17 lumograph. This is just what I said. It's a piece of X-
18 ray film that I'm going to put into contact with my final
19 RFLP product.

20 I'd like to briefly describe to you what each
21 lane represents so you have an understanding of what
22 we're looking at.

23 This first lane here, this is a size ladder or
24 a size marker. This is like my ruler. All of these bar
25 code looking things, these are my rulers that I'm going

1 to use to measure all of the information in here.

2 Because --

3 THE COURT: Ms. Stangroom, would you like a
4 marker? A pointer?

5 THE WITNESS: Oh, yes, I would. Thank you.

6 Okay. So we have four rulers that I'm going to
7 use to size all the information in between.

8 Remember I said you get half of your DNA from
9 your mother and half of your DNA from your father, so
10 that we are going to end up with two bands or two
11 fragments. And these are represented by -- these are
12 represented by, for example, in the first lane, marked
13 "victim," you see a band here and a band here.

14 There's a lot of smearing going on, and that's
15 because the victim was deceased and her blood sample was
16 breaking down.

17 But if you look very closely, you can see a
18 dark band here and a dark band here. That's her DNA
19 profile at Chromosome Number 4. I'm going to be looking
20 at six different areas on the DNA, so six different
21 chromosomes. This represents one profile at one
22 chromosome.

23 Moving on to the next lane, we have the
24 suspect, Adnan Syed. He has a band here and a band here.
25 Comparing the victim's banding pattern to his, you can

1 see that the bands lie at different areas on the
2 lumograph.

3 We have another internal marker, or an internal
4 ruler, and then we move on to the next sample lane, and
5 that's represented with the suspect Jay Wilds.

6 His sample bands are one up here and one down
7 here. Again, his banding pattern is different than the
8 other two individuals.

9 I have an empty lane next. And then down here
10 is our sample lane, two bands that line up and match
11 visually and mathematically with those bands from the
12 victim.

13 And then I have in the very second lane AC is
14 represented, that means my allelic control. That is one
15 of my internal controls that I run on every single
16 lumograph that I have. That is going to make sure that
17 these two bands do not size appropriately, then I know
18 something went wrong during my analysis, and the entire
19 result is null or I throw it away.

20 In this case, these two bands sized what they
21 were supposed to. It's a national control. All
22 laboratories in the country use this. All forensic labs
23 use this internal control.

24 I also have another standard down in this lane,
25 and it's an in-house blood standard that I'm going to

1 extract at the same time I extract my samples. So that
2 if something goes wrong again, I have yet another
3 standard to fall back on to prove that everything worked
4 as it should have.

5 So summing this up, Chromosome Number 4, we
6 have the victim matching the evidence, the two suspects
7 being excluded.

8 Would you like me to show another one?

9 Q. Would it be helpful to show the jury more of
10 those?

11 A. We can throw one more up on the screen.

12 Okay. This is another chromosome location.

13 This is Chromosome Number 1. The first one I showed you
14 is Chromosome Number 4.

15 Again, this is just to show you -- we've got a
16 lot of degradation going on, but we have the top band of
17 the victim is here, and her bottom band is down here.

18 The suspect's bands are here. Jay Wilds' bands
19 are here. The evidence matches the victim. And I do
20 this six times, but for time's sake, I just wanted to
21 show you two.

22 Q. Thank you very much.

23 (Pause.)

24 BY MR. URICK:

25 Q. Are all of the procedures that you just

<p style="text-align: right;">Page 83</p> <p>1 described generally accepted in the scientific community?</p> <p>2 A. Yes, they are.</p> <p>3 Q. If any of the steps in the procedure did not</p> <p>4 work right, what results would be obtained?</p> <p>5 A. I wouldn't get any results. A DNA profile</p> <p>6 cannot be created. I just would -- if something went</p> <p>7 wrong during my procedure, I wouldn't get any results.</p> <p>8 Q. And is the case work and the conclusions drawn,</p> <p>9 subject to peer review?</p> <p>10 A. Yes, they are. At least one other analyst, one</p> <p>11 other qualified analyst, usually my supervisor, looks at</p> <p>12 my work and has to agree with my conclusions before it's</p> <p>13 sent out.</p> <p>14 Q. And again, to a reasonable degree of scientific</p> <p>15 certainty, what are your opinions concerning the</p> <p>16 conclusions of this case?</p> <p>17 A. That Hae Min Lee cannot be excluded as a</p> <p>18 possible donor of the DNA extracted from the blood</p> <p>19 samples from the shirt.</p> <p>20 MR. URICK: Witness with the defense.</p> <p>21 THE COURT: Cross?</p> <p>22 MS. GUTIERREZ: Yes.</p> <p>23 CROSS-EXAMINATION</p> <p>24 BY MS. GUTIERREZ:</p> <p>25 Q. Ms. Stangroom, let me make sure I get this</p>	<p style="text-align: right;">Page 85</p> <p>1 Hae Lee; correct?</p> <p>2 A. That is true.</p> <p>3 Q. Okay. So the frequency as you report it, is</p> <p>4 really a frequency for the rate of error, is it not?</p> <p>5 A. No. It's not.</p> <p>6 Q. Okay, fine. Now, Ms. Stangroom, you reported</p> <p>7 it as 1.7 billion, one in 1.7 billion?</p> <p>8 A. That is correct.</p> <p>9 Q. And that was for Caucasians; correct?</p> <p>10 A. Yes.</p> <p>11 Q. And one in 1.4 billion for African-Americans?</p> <p>12 A. That's correct.</p> <p>13 Q. And were you aware of who Hae Min Lee was?</p> <p>14 A. No.</p> <p>15 Q. You only had a sample of her blood; correct?</p> <p>16 A. That is correct.</p> <p>17 Q. That somebody else had collected; correct?</p> <p>18 A. That's correct.</p> <p>19 Q. And were you aware that she was not a</p> <p>20 Caucasian?</p> <p>21 A. No.</p> <p>22 Q. And that she was not an African-American?</p> <p>23 A. No.</p> <p>24 Q. The two other blood samples that you analyzed,</p> <p>25 ma'am, to which you found no correlation to the blood on</p>
<p style="text-align: right;">Page 84</p> <p>1 straight. You report your results by referring them to</p> <p>2 the probabilities of making an error; isn't that correct?</p> <p>3 A. Are you talking about the frequencies that I</p> <p>4 read?</p> <p>5 Q. Yes. The frequencies that you reported to us?</p> <p>6 A. Actually, no, that's not true. The frequencies</p> <p>7 --</p> <p>8 Q. Read it again please?</p> <p>9 MR. URICK: Objection.</p> <p>10 THE COURT: Sustained. Again, please let the</p> <p>11 witness finish her answer.</p> <p>12 THE WITNESS: The frequencies, maybe I could</p> <p>13 explain it one more time.</p> <p>14 The frequencies that I read off are the</p> <p>15 frequency of finding that DNA profile in the population.</p> <p>16 So other than Hae Min Lee having that profile, who else,</p> <p>17 how many other people would I have to look at in the</p> <p>18 population to find it again?</p> <p>19 BY MS. GUTIERREZ:</p> <p>20 Q. Okay. Now, Ms. Stangroom, you first told us,</p> <p>21 on Mr. Urick's questioning, that each of us are unique,</p> <p>22 other than identical twins, are we not?</p> <p>23 A. Yes, that is true.</p> <p>24 Q. So you wouldn't expect to find some other</p> <p>25 member of any group of population to have the same DNA as</p>	<p style="text-align: right;">Page 86</p> <p>1 the shirt -- correct?</p> <p>2 A. That's correct.</p> <p>3 Q. -- were listed to you as being suspects, were</p> <p>4 they not?</p> <p>5 A. Yes.</p> <p>6 Q. On paper work submitted to you from the</p> <p>7 Baltimore City Police Department; isn't that correct?</p> <p>8 A. Yes.</p> <p>9 Q. And there's nothing unusual about paperwork</p> <p>10 asking you to compare various types of blood to see if</p> <p>11 something matches; correct?</p> <p>12 A. That's correct.</p> <p>13 Q. And by the word "match" you understand what I</p> <p>14 mean by that; correct?</p> <p>15 A. Yes.</p> <p>16 Q. Your job, based on your experience, was to look</p> <p>17 at the blood stain on a shirt and see if any one of those</p> <p>18 three people, the victim, and two suspects matched --</p> <p>19 their blood matched, whatever that means, the blood that</p> <p>20 was determined to be on the shirt; is that correct?</p> <p>21 A. Yes.</p> <p>22 Q. And that's why you performed every single thing</p> <p>23 that you just spent half an hour telling us about;</p> <p>24 correct?</p> <p>25 A. That's correct.</p>

1 Q. You can't tell, in your analysis -- can't tell
 2 us when that blood, which you've essentially identified
 3 as belonging to the victim, got there, can you?
 4 A. The time frame?
 5 Q. Yes, ma'am?
 6 A. No, I cannot.
 7 Q. Okay. And you can't tell us how the blood on
 8 the shirt you've identified as belonging to Hae Min Lee
 9 got there?
 10 A. No, I cannot.
 11 Q. Or under what circumstances; is that correct?
 12 A. Yes.
 13 Q. All you can tell us is that between the three
 14 samples that you analyzed, it's Hae Min Lee's blood and
 15 not Adnan Syed's; correct?
 16 A. I'm actually telling you more than just that.
 17 Q. Well, are you telling us that, ma'am, yes or
 18 no?
 19 A. That's one of the things I am reporting.
 20 Q. You're also telling us that that blood is Hae
 21 Min Lee's and is not Jay Wilds'; is that correct?
 22 A. That is correct.
 23 Q. Now, you don't know who Adnan Syed is, do you?
 24 A. No.
 25 Q. Other than that you're in a trial that bears

1 his name; is that correct?
 2 A. That's correct.
 3 Q. His name appeared on the summons issued to you
 4 to come and tell us these things, did it not?
 5 A. Yes.
 6 Q. And you don't know who Jay Wilds is, do you?
 7 A. No.
 8 Q. And you don't actually know, other than that
 9 his name appears listed as a suspect on the paperwork
 10 that was submitted to you; is that correct?
 11 A. That's correct.
 12 Q. And the first time this blood from the shirt,
 13 or from Hae Min Lee, or Adnan Syed, or Jay Wilds was
 14 submitted to you personally or to your office, was the
 15 24th of September; is that correct?
 16 A. That's correct.
 17 Q. It wasn't submitted to you back in February,
 18 after February the 10th, 1999, was it?
 19 A. No, I received it in September.
 20 Q. Okay. And if it had been submitted earlier,
 21 you would have known about it, would you have not?
 22 A. Yes.
 23 Q. Okay. Samples that are submitted to your lab
 24 for analysis don't just get lost, do they?
 25 A. No.

1 Q. You take good care of them, don't you?
 2 A. Yes.
 3 Q. Okay. And all of the analysis that you've
 4 performed has been performed since September the 24th,
 5 1999; right?
 6 A. That's correct.
 7 Q. Okay. Now, would it matter to your analysis to
 8 know that the shirt from which or against which you were
 9 to match the samples of Hae Min Lee, Adnan Syed, and Jay
 10 Wilds, came from Hae Min Lee's car?
 11 A. Would it matter in my analysis?
 12 Q. Yes, ma'am?
 13 A. Not really, no.
 14 Q. Not at all because all you are doing is
 15 matching blood samples with blood samples to see if they
 16 match on any level, are you not?
 17 A. That's correct.
 18 Q. And to exclude blood samples from being
 19 compared to other blood samples; is that correct?
 20 A. That's correct.
 21 Q. That's why when you reported your results, you
 22 reported it as at the end that Hae Min Lee could not be
 23 excluded as the source of the blood on the sample taken
 24 from a shirt; is that correct?
 25 A. That's correct.

1 Q. Okay. Now, you had not been -- you already
 2 knew, because it was reported to you that the blood on
 3 that shirt had already been identified as human blood
 4 prior to 9-24 -- were you not told that or given that
 5 information?
 6 A. Just on the chain of custody it's noted that it
 7 was a blood sample.
 8 Q. Okay. And so that meant to you, in your
 9 expertise, that some other scientist had determined that
 10 it was blood; correct?
 11 A. That is correct.
 12 Q. And that it was blood from a human being;
 13 correct?
 14 A. That's correct, yes.
 15 Q. And as differentiated as blood from some other
 16 species; is that correct?
 17 A. It just said "blood sample."
 18 Q. Okay. And that you had been given information
 19 that this was a criminal case; is that correct?
 20 A. That is correct.
 21 Q. Okay. And you had no control over the number
 22 of samples that might be submitted to you; is that
 23 correct?
 24 A. Yes.
 25 Q. If you had been given three suspects' names,

Condenselt!

<p style="text-align: right;">Page 91</p> <p>1 you would have compared them all, would you have not?</p> <p>2 A. Yes.</p> <p>3 Q. Or four; is that correct?</p> <p>4 A. Right.</p> <p>5 Q. Or five even, or more; is that correct?</p> <p>6 A. Yes.</p> <p>7 Q. Is that correct?</p> <p>8 A. Yes. That is correct.</p> <p>9 Q. Okay. And you would have done anything that</p> <p>10 was asked of you, would you not?</p> <p>11 A. Yes.</p> <p>12 Q. Would you have not? Much like you did here; is</p> <p>13 that correct?</p> <p>14 A. That's correct.</p> <p>15 Q. So essentially what you can tell us is that the</p> <p>16 source, in layman's terms, the source of the blood in the</p> <p>17 line that you've outlined, indicates that the blood that</p> <p>18 had been predetermined to be human blood on the shirt</p> <p>19 found in Hae Min Lee's car, was her own; is that correct?</p> <p>20 A. That is correct.</p> <p>21 Q. Thank you.</p> <p>22 MS. GUTIERREZ: Nothing further.</p> <p>23 THE COURT: Any redirect?</p> <p>24 REDIRECT EXAMINATION</p> <p>25 BY MR. URICK:</p>	<p style="text-align: right;">Page 93</p> <p>1 six?</p> <p>2 A. That's correct.</p> <p>3 Q. And, now, after you -- when you did your</p> <p>4 testing, did you use up the entire sample?</p> <p>5 A. No, I did not. We --</p> <p>6 Q. -- was there --</p> <p>7 A. As a procedure in our Crime Lab, we always save</p> <p>8 a sample for retest, always.</p> <p>9 Q. And could any party involved in a case request</p> <p>10 a test be done with that?</p> <p>11 MS. GUTIERREZ: Objection.</p> <p>12 THE WITNESS: Yes.</p> <p>13 MR. URICK: Nothing further.</p> <p>14 THE COURT: Recross?</p> <p>15 RECROSS-EXAMINATION</p> <p>16 BY MR. GUTIERREZ:</p> <p>17 Q. Ms. Stangroom, how many billion people are</p> <p>18 currently in the world?</p> <p>19 A. I believe it's almost six billion.</p> <p>20 Q. Okay. And of those six billion people</p> <p>21 currently alive today, and alive for the last couple</p> <p>22 months, how many of them are Asian?</p> <p>23 A. I am not sure.</p> <p>24 Q. A large percentage, don't you think?</p> <p>25 A. I'm not sure.</p>
<p style="text-align: right;">Page 92</p> <p>1 Q. You had started to say that you were actually</p> <p>2 saying more than the defense counsel was putting into her</p> <p>3 question. Please explain what you meant by that?</p> <p>4 MS. GUTIERREZ: Objection.</p> <p>5 THE COURT: Overruled.</p> <p>6 THE WITNESS: I am not only saying that the</p> <p>7 blood sample matched Hae Min Lee, but I'm also going a</p> <p>8 step further and telling you that there were two people</p> <p>9 that it did not match, and that the frequency is also</p> <p>10 important in this case because it tells you how rare or</p> <p>11 common this type of a profile is in the population.</p> <p>12 Yes. I did say that all of our DNA is unique,</p> <p>13 and that is very true. But because I'm only looking at</p> <p>14 six areas of the DNA, I'm not looking at our whole DNA</p> <p>15 profile, I have to assign it a statistical weight to help</p> <p>16 other people understand what that match really means.</p> <p>17 And so I'm telling you that Hae Min Lee's blood</p> <p>18 matches the blood that was found on the t-shirt. And I'm</p> <p>19 assigning the weight to it by telling you that that DNA</p> <p>20 profile is found in the population approximately 1.7</p> <p>21 billion in the Caucasian, and 4.1 billion in the African-</p> <p>22 American.</p> <p>23 BY MR. URICK:</p> <p>24 Q. So across just those six chromosomes you may</p> <p>25 another match, but this would be the frequency for those</p>	<p style="text-align: right;">Page 94</p> <p>1 MS. GUTIERREZ: Nothing further.</p> <p>2 THE COURT: Okay. Thank you, ma'am. You are</p> <p>3 excused.</p> <p>4 (The witness was excused.)</p> <p>5 THE COURT: Next?</p> <p>6 MR. URICK: May we approach?</p> <p>7 THE COURT: Okay.</p> <p>8 (Counsel and the defendant approached the</p> <p>9 bench, and the following ensued:)</p> <p>10 MR. URICK: We would request a five or ten-</p> <p>11 minute recess at this time.</p> <p>12 THE COURT: (Inaudible) since you are getting</p> <p>13 more of a lunch out of it and screwing me out of a chance</p> <p>14 to have another witness on this morning.</p> <p>15 MR. URICK: We would like to show the witness</p> <p>16 an exhibit so that when they come in they don't fumble</p> <p>17 with it. And also we would like to have a rest room</p> <p>18 break.</p> <p>19 THE COURT: We're starting at 1:30, then. No,</p> <p>20 we're starting at 2:00. (Inaudible) prisoner up before</p> <p>21 2:00. You've lost the witness break.</p> <p>22 Step back.</p> <p>23 (Counsel and the defendant returned to the</p> <p>24 trial table, and the following ensued:)</p> <p>25 THE COURT: Members of the jury, if you would</p>